

Hall Declaration Exhibit D

UNITED STATES DISTRICT COURT
FOR THE NORTHERN DISTRICT OF CALIFORNIA
SAN FRANCISCO DIVISION

ROBERT JACOBSEN,	:	NO. C-06-1905-JSW
	:	
Plaintiff,	:	
	:	
- vs -	:	
	:	
MATTHEW KATZER, et al.,	:	
	:	
Defendants.	:	

COPY

Videotaped deposition of MICHAEL MOSHER, taken at HOLIDAY INN EXPRESS, 398 Smith Street, Vineland, New Jersey, taken on Thursday, September 10, 2009, beginning at approximately 5:38 p.m., before Kim L. Montalvo, Registered Professional Reporter, Certified Court Reporter and Notary Public in and of the Commonwealth of Pennsylvania.

SUMMIT COURT REPORTING, INC.
Certified Court Reporters and Videographers
1500 Walnut Street, Suite 1610
Philadelphia, Pennsylvania 19102
424 Fleming Pike, Hammonton, New Jersey 08037
(215) 985-2400 * (800) 447-8648 * (609) 567-3315
www.summitreporting.com

MICHAEL MOSHER

1 Q. What is the purpose of the QSI manual?

2 A. To give the listing of each config --
3 configuration variable, and what the definition of
4 each bit or -- and bit -- if it's a bitmap or what
5 the value it does.

6 Q. Okay. Is it a reference manual?

7 A. Yes.

8 Q. Or an instruction manual?

9 A. Yes.

10 Q. Okay. If someone were to open up that
11 manual to -- or why would someone want to use that
12 manual?

13 A. To program a decoder.

14 Q. Okay.

15 A. Either by computer or if they did it with
16 their hand -- handheld throttle on their DCC
17 system.

18 Q. So you can use the manual to program a --
19 a QSI decoder using a handheld device?

20 A. Yes.

21 Q. Now, what is the purpose of a decoder
22 definition file?

23 A. Saves all the values so you can reprogram
24 if you have to in the future. Puts in easy-to-use
25 format instead of try and remember bits and bites

MICHAEL MOSHER

1 and different values. It puts it in a nice
2 drop-down list or sliders to be able to pick easily
3 and put it in nicer, easier-to-read format than
4 remembering CV numbers.

5 Q. Okay. Now, is it -- is the QSI manual as
6 a reference manual interchangeable within decoder
7 definition file?

8 A. No.

9 Q. If you took a page from the QSI manual and
10 scanned and digitized it, could you put it in a
11 decoder definition file and have that decoder
12 definition file work?

13 A. No.

14 Q. About QSI decoders, do they have sound
15 recordings?

16 A. Yes, or sound decoders. You can't add
17 your own recordings. You have to only get
18 recordings from QSI.

19 Q. Okay. And are the sound recordings based
20 on recordings from actual trains?

21 A. Yes, as far as I know.

22 Q. Okay. And do you know if QSI creates any
23 of the sounds?

24 A. No, I don't.

25 Q. Okay. Now, I want to move to decoder

MICHAEL MOSHER

1 Q. Uh-hum.

2 A. And then you got to either --

3 MS. HALL: If I could interrupt for
4 just a second. Scott, we're getting a lot
5 of noise from your phone. Can you put it
6 on mute and speak when you have some
7 question or something?

8 MR. JERGER: Sure.

9 MS. HALL: Thank you.

10 BY MS. HALL:

11 Q. Okay. Okay. Where you left off was
12 creating a decoder definition file. Go ahead and
13 continue.

14 A. First, try to find if there -- if that
15 particular CV would fit into an existing pane,
16 which is where the CVs show up in when you're
17 actually using it. And if not, add it to a pane
18 that's specific to that particular decoder.

19 Q. Okay. Are there -- what are the
20 constraints -- or let me ask you this: Are there
21 any constraints in creating decoder definition
22 files, such as, you know, it needs to work with
23 JMRI or NMRA standards or decoder chip constraints?

24 A. Well, it has to be able to work with JMRI.
25 There's -- in the program, there's an -- in the

MICHAEL MOSHER

1 debug menu there's a validate file and a check file
2 they run through to make sure the syntax is
3 correct. And does not necessarily match NMRA
4 because the decoder has to match the decoder, the
5 CV decoders. And they may or may not match the
6 NMRA standards for every CV.

7 Q. Okay. But the NMRA does put out a
8 standard for CVs; is that right?

9 A. That's correct. Mainly CVs --

10 MR. JERGER: I object to the form of
11 that question.

12 BY MS. HALL:

13 Q. Okay. Does the NMRA put -- put out a
14 standard?

15 A. Yes.

16 Q. And is that standard used by
17 manufacturers?

18 A. Yes.

19 Q. Would that -- would that standard
20 constrain what CV values are available to use?

21 A. Yes.

22 Q. Okay. Now, tell me about the choices that
23 you make in creating a decoder definition file.

24 A. I'm not sure what you mean.

25 Q. Okay. I'll tell you what, we're going to

MICHAEL MOSHER

1 A. I didn't leave anything out, I don't
2 think.

3 Q. Okay. You've worked on -- you've created
4 some other files, as well; is that right?

5 A. Yes.

6 Q. Did you make choices on -- on what decoder
7 inputs and what variables should display?

8 A. Yes.

9 Q. Did you make choices on how decoder inputs
10 would be arranged within the file?

11 A. Yes.

12 Q. Did you make choices on what to leave in
13 and what to leave out of those?

14 A. Yes.

15 Q. Okay. But not in this particular file
16 itself?

17 A. I don't believe, not this file. It's a
18 pretty simple decoder.

19 Q. Okay.

20 A. Very early decoder.

21 Q. Okay. When you -- for those other files
22 that you worked on, when you made these choices,
23 what drove your decisions?

24 MR. JERGER: Object to the form of
25 the question.

MICHAEL MOSHER

1 BY MS. HALL:

2 Q. When -- let's see, you made decisions --
3 you -- you stated earlier that you made decisions
4 on variable selections, arrangements, and inputs?

5 A. Yes. How -- basically, how -- how I
6 thought it would look best, grouped with other
7 variables that were similar -- similar use or
8 whatever.

9 Q. Did that involve creativity on your part?

10 MR. JERGER: Object to the form.

11 MS. HALL: Please answer.

12 THE WITNESS: I guess.

13 BY MS. HALL:

14 Q. Did you -- did anything else govern your
15 decisions to create decoder definition files? Let
16 me rephrase the question. What considerations did
17 you take in when you created a decoder definition
18 file?

19 A. I wanted to get every CV in the file, and
20 try to arrange them as best so that -- so that
21 things that were -- you -- a user would want -- a
22 configure would be grouped together so that each --
23 each function you grouped together.

24 Q. Okay. Does that mean that you would
25 necessarily arrange it in num -- numerically,

MICHAEL MOSHER

1 section. This file is based on the most recent
2 firmware releases for each model. For those who
3 have earlier firmware releases, the previous file
4 'Version7CVSupport.txt' may be more applicable. I
5 renamed this file to CV -- 'Version7CV_1.txt.'"

6 Q. Is Mr. Pruss's E-mail in response --
7 excuse me -- is Mr. Pruss' E-mail in response to
8 anything in particular?

9 A. His response to an E-mail I sent on list
10 asking for an updated investigation of the file.

11 MS. HALL: Okay. And I'm going to
12 hand two other exhibits. These are
13 Exhibit Mosher Number 19 and Exhibit
14 Mosher Number 20. These are -- Exhibit
15 Mosher 19 is RGJ_mm.00000430. And it --
16 the Exhibit Mosher Number 20 is
17 2RGJ_mm.00000013. I'm handing that to the
18 court reporter to ex -- to mark as Exhibit
19 Mosher Number 20.

20

- - -

21 (Whereupon, Exhibits Mosher-19 and
22 -20 were marked for identification.)

23

- - -

24 MS. HALL: The court reporter has
25 handed these two exhibits to Mr. Mosher.

MICHAEL MOSHER

1 BY MS. HALL:

2 Q. Mr. Mosher, would you please read these
3 exhibits.

4 A. E-mail from Mr. Pruss, "Michael I've added
5 'Version7CVSupport_15Feb09.txt' to the group's
6 Files section."

7 Q. And this is -- and let me step back a
8 second. Do you recognize these E-mails?

9 A. Yes.

10 Q. And why do you recognize these?

11 A. These are E-mails from Mr. Pruss
12 responding to a question for an updated file.

13 Q. And who is the request from?

14 A. Me.

15 Q. And what did Mr. Pruss do?

16 A. Created a new file and uploaded it to the
17 Yahoo Groups Files section.

18 Q. Okay. And what date was this?

19 A. February 15th, '09.

20 Q. Have there been subsequent E-mails between
21 you and Mr. Pruss?

22 A. Yes.

23 Q. And what -- what did these E-mails -- or
24 let me step back a second. You've written Mr.
25 Pruss after February of 2009?

MICHAEL MOSHER

1 A. Yes.

2 Q. And what did you -- what -- what was the
3 purpose of those E-mails?

4 A. Asked for another updated support file.

5 Q. How many other E-mails have you written to
6 Mr. Pruss?

7 A. Just the one I know of.

8 Q. Okay. And did Mr. Pruss respond?

9 A. Yes, he did.

10 Q. And what did he do?

11 A. He put a new file on the Yahoo Group's
12 Files section.

13 Q. Okay. So stepping back a second here. In
14 these exhibits, you've had contacts with Mr. Pruss
15 by way of QSI's Yahoo Groups from 2006 through
16 2009?

17 A. Yes.

18 Q. And you've had contacts with Mr. Pruss
19 after Mr. Katzer filed his counterclaim against Bob
20 Jacobsen for copyright infringement of the QSI
21 manual. That was in mid February 2009?

22 A. Yes.

23 Q. Did Mr. Pruss object in any way to what
24 you were doing with these files?

25 A. No.

MICHAEL MOSHER

1 Q. He knew what you were doing with these
2 files?

3 A. Yes.

4 Q. These -- this information was posted to
5 QSI LISTSERVS; is that right?

6 A. Yes.

7 Q. Do you know if others at QSI are getting
8 information from the LISTSERVS?

9 A. Yes. I have had E-mails from other QSI
10 employees.

11 Q. Who?

12 A. The one I remember is Josh, and I don't
13 remember his last name. He's spoke several times
14 on -- about -- was that he did some custom installs
15 of adding QSI revolution decoders to sound. And he
16 was talking about it. And saying he was going to
17 do a write-up and post it on -- on the website, but
18 I haven't seen the write-ups yet.

19 Q. And why would he -- did he E-mail you?

20 A. It was on the list.

21 Q. Was it intended for you?

22 A. No.

23 Q. Okay. All right. So in all the time that
24 you have requested files, and these files have been
25 provided, there's been no objection from Mr. Pruss

MICHAEL MOSHER

1 about your use of these files?

2 A. No.

3 Q. And there's been no objection from QSI on
4 your use of these files and decoder definition
5 files?

6 A. No.

7 Q. And there has been no removing you from
8 QSI's LISTSERV so as -- so as to remove your access
9 to these files; is that right?

10 A. No.

11 Q. If QSI had told that you it thought QSI
12 decoder definition files infringed its rights,
13 would you have continued to create QSI decoder
14 definition files?

15 A. No.

16 Q. Just to reiterate, QSI has never said to
17 you that QSI defini -- definition files infringe
18 its rights?

19 A. No.

20 Q. If you had the opportunity to say
21 something to Fred Severson about QSI's assignment
22 of the manual to Katzer and Katzer's \$6 million
23 copyright infringement claim against Bob Jacobsen,
24 what would you say to Fred Severson?

25 A. "Why?"

MICHAEL MOSHER

1 forward/reverse only; is that right?

2 A. Yes.

3 Q. Okay. So is there any -- other than --
4 let me rephrase that.

5 Are all of the decoder definition
6 files that you create organized in that particular
7 fashion?

8 A. Yes. I try to make them all in numerical
9 order because on the JMRI CV tab it lists tell in
10 order of the file. And it's nice to be able to
11 sort -- search quickly and find them in numerical
12 order.

13 Q. Okay. And so for this particular file,
14 looking at Exhibit 2, it looks like you spent about
15 11 hours on it. So this is -- this is one of the
16 -- I guess, well, the most complicated file; is
17 that fair to say?

18 A. Yes. It was very complicated.

19 Q. Okay. So let's -- let's look at this 104
20 in conjunction with 105. And what 105 is is the --
21 it's the KAM version of the same file as I
22 understand it. Does that makes sense to you?

23 A. Yes.

24 Q. Okay. And do you see -- you know what,
25 just simply speaking, what are the similarities --

MICHAEL MOSHER

1 those effects to happen.

2 Q. Okay. And so these -- these features here
3 describe -- or these phrases here describe what's
4 available on a particular chip; is that my
5 understanding of what you just said?

6 MR. JERGER: Object to the form of
7 that question.

8 THE WITNESS: Yes.

9 BY MS. HALL:

10 Q. You stated that the numbers are in numeric
11 order, if my understanding is correct, if I
12 understand your testimony; is that right?

13 A. Yes. Other than the splitting up of the
14 non-index and index CVs.

15 Q. Okay. So there are the non-index CVs
16 first and then the index CVs; is that right?

17 A. Yes.

18 Q. The -- let's take a look at that same page
19 again that we were just looking at, which has at
20 the top ienumChoice choice="Dim Reverse Light"
21 value="75"/, and then I guess that's a caret. And
22 it has similar phrases involving other features.
23 Does this information organized in this fashion
24 appear anywhere in any QSI manual?

25 A. No. QSI sorts them by num -- or

MICHAEL MOSHER

1 alphabetic order of the name, where I have them in
2 order -- numerical order of the value.

3 Q. Okay. Moving back two pages to
4 KAM-00032823. Towards the bottom of that page
5 there is another label; it says, "CV=53.1.0." Do
6 you see that?

7 A. Yes.

8 Q. And do you know what that refers to,
9 without answering the question yet, yes or no?

10 A. Yes.

11 Q. Over the next few pages, do you see other
12 information related to a CV 53?

13 A. Yes.

14 Q. And for how many pages does it go on?
15 Actually, give me a Bates number when it stops?

16 A. 32853.

17 Q. Okay. Does this organization appear in
18 the QSI manual?

19 A. No.

20 MR. JERGER: Object to the form.

21 BY MS. HALL:

22 Q. Answer the question.

23 A. No.

24 Q. Looking back to -- from -- to
25 KAM-00032822, about midway through. I want to draw

MICHAEL MOSHER

1 form of that question.

2 BY MS. HALL:

3 Q. Let me take you back -- let's see here --
4 to the previous page that's KAM-00032821. And that
5 is CV 51, about the middle of the page. You have a
6 note in the middle of the page related to the
7 formatted items. Can you read that for me.

8 A. "QSI CV.PI.SI formatted items."

9 Q. And what does that -- what does that mean?

10 A. Means the CV numbers listed first, then
11 the primary index after a period, and then another
12 period, and a secondary index.

13 Q. What is a primary index?

14 A. CV 49. It's the way QSI allowed more
15 values to be stored given the limited number of
16 manufactured unique CVs that NMRA originally
17 defined.

18 Q. Okay. Well, let me -- let me step back a
19 second. For this page and the following page,
20 which is KAM-00032822, you've written some code
21 here. Does this code appear in any QSI manual as
22 it's written here?

23 A. No.

24 Q. You've said that you are -- you are
25 familiar with this file, you wrote it?

MICHAEL MOSHER

1 A. Yes.

2 Q. Does any of this code in this file appear
3 in any QSI manual as it's written in this file?

4 A. No.

5 Q. Okay. You were asked some questions about
6 hours, and that -- you said that this file took you
7 11 hours.

8 A. It's probably a conservative number, but
9 that's what I said.

10 Q. It's a conservative number. Have you
11 worked with other JMRI developers on decoder
12 definition files?

13 A. Yes.

14 Q. How long on average -- or do you know how
15 long on average it takes them to create a decoder
16 definition file?

17 A. No, I do not.

18 Q. Is it conceivable that some will take
19 longer?

20 A. Yes.

21 MR. JERGER: Objection.

22 BY MS. HALL:

23 Q. Is there --

24 MR. JERGER: Form.

25 MS. HALL: I'm sorry. What was the